

1 Morris Waxler - direct

2 perspective of the law if data was not submitted
3 for three and a half years after a surgery,
4 correct?

5 A Correct.

6 Q Were ophthalmologists permitted to advertise
7 their Sullivan Laser System as part of their IDE
8 study?

9 A No.

10 Q And would that be under Section 812 of the
11 Federal Regulations?

12 A Correct.

13 Q What's the reason for that prohibition?

14 A The reason is that it's not an approved
15 device. It's an investigational device, and the
16 objective is to make sure that people who are
17 seeking the treatment with the device understand
18 that it's investigational, that it's an
19 experimental device, and to not be misled to
20 thinking that because the FDA has approved the
21 investigation that the FDA has approved the laser.

22 Q Okay.

23 So if an advertise reads: "Are your glasses
24 getting in your way? Do your contacts hurt at the
25 end of the day? Are your glasses foggy during your

1 Morris Waxler - direct

2 favorite activities? Do you have problems with
3 sand at the beach or finding your blanket when you
4 come out of the water? Today these problems really
5 can be remedied."

6 Is that misleading? Is that inappropriate?

7 A That's advertising. It's -- yeah. Basically.

8 Q It's illegal?

9 A Correct. It's prohibited by 812.

10 Q You okay?

11 A What's that?

12 Q You okay?

13 A I'm fine. As okay as I'm going to get.

14 Q Did the FDA shut down the Nevyases' Sullivan
15 Laser System, to your knowledge?

16 A Did they shut down the IDE?

17 Q Correct.

18 A Excuse me. Sorry about that. My throat is
19 dry. If I drink any more water, I'm going to float
20 away.

21 The only evidence that I have, after going
22 over many documents that were given to me since I
23 left the agency related to this trial, I discovered
24 an email from someone in my research monitors whose
25 name escapes me -- he was part of the Office of

1 Morris Waxler - direct
2 Compliance -- that essentially said that the IDE
3 had been put on hold or stopped. I think that was
4 the word used.

5 Other than that, I wouldn't know because I
6 wasn't at the FDA at that time; and even if
7 I -- with the consequence, I wouldn't have -- be
8 privy to that information.

9 Q Do you recall if that email cited concerns
10 over research misconduct?

11 A Correct, as I recall.

12 Q And concerns that the device was endangering
13 the public?

14 MR. SILVERMAN: Objection. This is
15 evidence that comes as hearsay.

16 MS. FITZGERALD: The document will
17 be introduced.

18 THE COURT: There's been testimony
19 that an application was filed by the Nevyases
20 to use this. There were some complaints from
21 the investigation, but they were never closed
22 down.

23 MR. SILVERMAN: Correct. They were
24 never closed down.

25 THE COURT: They were never -- the

1 Morris Waxler - direct
2 word "closed down" is not a good term. They
3 were never prohibited from using the Excimer
4 laser, that in '91 they discontinued it
5 anyway.

6 BY MS. FITZGERALD:

7 Q Is that your understanding, Mr. Waxler, of
8 what happened, that the Nevyses voluntarily
9 discontinued using the laser device in 2001?

10 A There's two things I say in addition to the
11 letter of Mr. Troski (ph) --

12 THE COURT: Trotsky?

13 THE WITNESS: That's that email that
14 I have read that says that it was shut down.
15 I don't know -- I have no verification of
16 that. I don't know what -- the other thing is
17 that what we did routinely was that even when
18 there was a withdraw of an IDE, which did
19 happen, one of our objectives was -- because
20 there were 100 some IDEs, believe it or not,
21 that we had under our control of folks that
22 were not complying with the rules, we
23 systemically applied pressure to those that we
24 knew there were in violation, essentially
25 jawboning them to withdraw their application.

1 Morris Waxler - direct

2 So this was a typical -- whether it
3 occurred here in this case, I have no idea.

4 But --

5 BY MS. FITZGERALD:

6 Q Looking at the summary findings and all of the
7 directed inspections --

8 A Right.

9 Q -- is that the type of pressure that the FDA
10 applies?

11 A Correct.

12 Q And they conduct all those inspections to
13 apply pressure to get the investigator to withdraw
14 the device?

15 A Correct.

16 Q Is that what was done in this case?

17 A Well, certainly the directed inspections are a
18 part of it; the piece about whether there was
19 jawboning with regard to withdraw, I have no way of
20 knowing.

21 Q Now, the Nevyases submitted their application
22 for an IDE in March of 1997, and the FDA denied it
23 twice before they granted conditional approval in
24 August of 1997.

25 Were you aware of that?

1 Morris Waxler - direct

2 A I am.

3 Q Why would the FDA be denying the application
4 on two different occasions?

5 A Because they had safety concerns, information
6 about the -- there were a whole series of issues.
7 I forgot the exact number. There were 15 or 16
8 deficiencies having to do with the software
9 violation, verification, having to do with aspects
10 of protocol, having to do with profilometry, that
11 is the evenness of the profile on the surface of
12 the cornea. There were many issues. It's a long,
13 long list.

14 So until the -- this is typical. Until the
15 agency is satisfied that the laser is -- meets
16 certain minimum safety conditions, that it would
17 not even allow a clinical trial to proceed with the
18 first patients.

19 Q Okay.

20 Now, I understand that you're not involved in
21 the complaint resolution process at the FDA, but in
22 your 26 years there, are you aware of whether the
23 FDA typically responds to individuals who submit
24 complaints?

25 A No.

1 Morris Waxler - direct

2 Q No you are not aware, or no they don't?

3 A They want complaints, but typically the agency
4 does not respond to complaints. Even when I
5 complained to the agency, they don't respond. The
6 reason for that is it's an institution, and the
7 response has to come from the institution.

8 So when a letter comes in to the commissioner
9 or the president or the Office of Criminal
10 Investigations -- except for the latter one -- the
11 complaint will trickle down to the appropriate
12 division or branch; and then the branch has to
13 figure out -- assign it to somebody to figure out
14 is this something we want to respond to, or we just
15 want to sort of ignore it.

16 If they decide that they want to respond, if
17 it's an important enough issue to respond, then it
18 will -- someone will draft a response and it will
19 trickle back up to whomever the letter was
20 assigned. And sometimes there's a response.

21 Typically, there's not a response because the
22 agency doesn't want to engage in a public dialogue
23 by letters on each of these complaints.
24 Essentially what it will do is to keep copies of
25 them; and except in a rare instances, it will not

1 Morris Waxler - direct

2 respond.

3 Q But they do want to hear these complaints?

4 A Absolutely.

5 Q Particularly if they address matters of public
6 concern other safety of medical devices.

7 A Absolutely.

8 Q Going back to the protocol in the case of the
9 Nevyases, the FDA granted conditional approval with
10 limited parameters as to how that device could be
11 used, right?

12 A Correct.

13 Q If the Nevyases went outside those parameters,
14 for instance, if they operated on patients who had
15 criteria that put them out, that would be a
16 violation?

17 A Correct.

18 Q And that would be the case even though they
19 had technically an IDE approval in place?

20 A Correct.

21 Q Did the FDA become aware of a number of those
22 instance where the Nevyases were operating outside
23 of the parameters after conditional approval of the
24 IDE had been given?

25 A From my reading of the -- one of these

1 Morris Waxler - direct

2 documents of the inspector, yes.

3 Q Now, as part of the IDE process, do clinical
4 investigators use an institutional review board?

5 A Yes.

6 Q Is it essential that the investigator submit
7 accurate and timely data to the intuitional review
8 board as well?

9 A Absolutely.

10 Q So it wouldn't be sufficient for a clinical
11 investigator so say, "That's not my responsibility;
12 it was the IRB's responsibility"?

13 A Correct.

14 Q Mr. Waxler, do you know the Nevyases
15 personally?

16 A Yes. I do.

17 Q How do you know them?

18 A Well, I'm -- I -- I did a little work for
19 Dr. Anita Nevyas-Wallace. I have a consultancy
20 that I help manufactures get their products on the
21 market. She had a surgical of tool of some sort; I
22 helped her out with that.

23 Her husband, Dr. Ira Wallace, has a very
24 interested device I helped him out with a couple
25 years ago -- not related to Lasik -- we worked on.

1 Morris Waxler - direct

2 Fun and interesting and profitable.

3 Q So they sought your advise out in terms of
4 getting their devices in order in terms of
5 regulatory matters?

6 A I'm sorry. I didn't understand the question.

7 Q They sought your advise out to help them for
8 purposes of regulatory issues?

9 A Correct. Correct.

10 Q Okay.

11 MS. FITZGERALD: Those are all the
12 questions I have at this time.

13 THE COURT: Mr. Silverman.

14 MR. SILVERMAN: Yes.

15 - - - - -

16 CROSS-EXAMINATION

17 - - - - -

18 BY MR. SILVERMAN:

19 Q Dr. Waxler, when you heard the terms of the
20 advertisement that Ms. Fitzgerald read to you,
21 could that treatment be done by radial cartonomy
22 (ph)?

23 A It could be.

24 Q So if that's what they were advertising, they
25 wouldn't be in violation of the FDA regulations;

1 Morris Waxler - cross

2 would they?

3 A But my -- yes.

4 Except that -- if I may continue -- my
5 recollection of reading that advertisement had to
6 do with Lasik, as I recall.

7 Q What she read to you didn't mention Lasik.

8 A What she read to me, that's correct.

9 Q And that's what I'm asking you about.

10 A Okay.

11 Q If that was an advertisement for radial
12 cartonomy, it wouldn't be in violation of the FDA
13 regulations, would it?

14 A Correct.

15 Q Okay.

16 Are you aware that during the term that the
17 Nevyases' IDE was in place that the scope of what
18 they were permitted to do kept being enlarged?

19 A Correct.

20 Q Isn't it true, Doctor, that once an IDE is
21 applied for and the Food and Drug Administration
22 gets the plans, makes an inspection of the laser,
23 that it's no longer a black box laser?

24 Is that correct? You've had an opportunity to
25 look inside of it.

1 Morris Waxler - cross

2 A Not completely correct.

3 Q What's incorrect?

4 A What's incorrect is the fact that we look at
5 the particulars. There were a long list of
6 technical issues that the Nevyases, as far as I can
7 tell, never answered.

8 Q Never what?

9 A Never answered.

10 There were issues related to profilometry
11 issues related to quality, issues related to
12 verification and validation.

13 So it is not exactly correct to say that the
14 agency looked at the laser and now it is no longer
15 a black box because typically what happens in a
16 usual sort of manufacturing scheme is that
17 the -- when the -- when we receive the
18 documentations, the -- it will be a quality system
19 manual that was prepared that shows all of the
20 issues that are required, and that eventually was
21 developed later on in the process.

22 I believe Dr. Fant must have developed that
23 quality system, so it wasn't until fairly late.
24 You could say, well, it was sort of a semi-black
25 box with more information coming forward, but it's

1 Morris Waxler - cross

2 not ipso facto that once the IDE is been approved,
3 we, the agency, say it's no longer a black box.

4 It's a black box under an IDE.

5 Q Okay.

6 A In fact, if I had my records with me, it was
7 a -- unfortunately, I don't have -- I think it
8 would be clear that we -- the agency continued to
9 refer to all those IDEs that were originally black
10 boxes and gray boxes, they remained black boxes and
11 gray boxes after they remained in their IDEs
12 because we still didn't know until a later
13 point -- understand fully what their parameters of
14 use were.

15 Q The FDA had every opportunity to -- that they
16 asked to examine the Nevyases' laser; isn't that
17 correct?

18 A Every? I don't think so.

19 Q Were you ever denied access to look at their
20 laser? Was the FDA ever denied that access?

21 A The FDA was denied information related to the
22 deficiencies that were specific.

23 The agency doesn't actually look at the laser
24 and say was this connected to this capacitor. It's
25 the manufacturer -- in this case the manufacturer

1 Morris Waxler - cross

2 of record was the Nevyases -- to provide the agency
3 with the details requested in the IDE.

4 If you look back at the deficiency list, that
5 deficiency list continued to grow related to
6 multi-focal issues, safety, quality. So it is not
7 correct to say that we had every opportunity to
8 inspect the laser.

9 Q Do you have any record that shows that you
10 were denied access to the laser and denied the
11 opportunity to inspect it?

12 A Not denied access to the laser, but denied the
13 information that was requested.

14 Q What information were you denied?

15 A Profilometry software validation verification,
16 and I can read the deficiency list. That
17 deficiency list remained, at least from my reading
18 of the IDE protocol, remained for up until '99,
19 2000. I don't know. There were deficiencies that
20 remained forever as far as I can tell from the
21 record.

22 Q And the FDA allowed the laser to be used even
23 knowing of these deficiencies?

24 A Correct.

25 Q Who is Ralph Rosenthal?

1 Morris Waxler - cross

2 A The Director of the Ophthalmic division.

3 Q Okay.

4 And --

5 A Unfortunately deceased.

6 Q When he -- is he the person that would confirm
7 that an IDE was closed?

8 A I would make the recommendation or somebody in
9 my stead would make the recommendation. The
10 process is as follows:

11 My staff would review -- in this case
12 Dr. Beers, I believe was the lead reviewer in the
13 investigation device exemption -- and he would make
14 his recommendations to me. When I left he would
15 make recommendations to himself because he was the
16 chief. But he would make recommendations, and then
17 I would make recommendations to Dr. Rosenthal.
18 That's the process.

19 Q Would you look at Plaintiff's Exhibit No. 66.
20 It's in that first large book that's sitting there
21 in front of you.

22 A This book here?

23 Q Yes.

24 Can you look at that, please, Doctor.

25 A Yes.

1 Morris Waxler - cross

2 Q Does that indicate to you that the Food and
3 Drug Administration acknowledged the completion of
4 Dr. Nevyases' investigation?

5 A That's what it says.

6 Q Do you have any reason to disagree with that?

7 A No.

8 Q And do you have any reason to disagree that
9 this was closed voluntarily by the Nevyases?

10 A The only disagreement I would have is to
11 whether jawboning occurred because of the other
12 evidence and the other issue, the email from
13 Mr. Trotsky. There was issues to that day, so I'd
14 have look to other documents.

15 Q Do you have any personal knowledge of any
16 action by the FDA to close the Nevyases' IDE?

17 A I was not in the agency then, so I can't speak
18 to that.

19 Q Do you know when the last investigation
20 of -- or use of the IDE was made by the Nevyases?

21 A No. I don't recall.

22 Q Would it surprise to you to know it was
23 November 30, 2001?

24 A No. Not necessarily.

25 Q And would it surprise you to know that it was

1 Morris Waxler - cross

2 closed because the Nevyases purchased a laser that
3 had more functionality than theirs?

4 A No.

5 Q Because theirs was a broad beam laser, and on
6 the market came Flying Spot Lasers.

7 A Uh-huh.

8 Q You are not a medical doctor; are you?

9 A That is correct.

10 Q If a medical doctor examines a prospective
11 Lasik patient and finds that that patient had
12 retinopathy of prematurity, but that the retinas
13 were stable, that...

14 - - - - -

15 (Pause.)

16 - - - - -

17 BY MR. SILVERMAN:

18 Q That there were no disorders regarding that
19 patient's retinas which would contraindicate Lasik
20 surgery, is it your testimony that that person is
21 not a candidate for Lasik surgery even after a
22 retinal specialist clears him for that?

23 A Correct.

24 And the reason, if I may add, is that the
25 protocol is clear with regard to exclusion and

1 Morris Waxler - cross

2 inclusion criteria; so the fact that someone says
3 that this retinal problem is not a problem for
4 inclusion of that patient is irrelevant from the
5 regulatory point of view. I'm not speaking of an
6 ophthalmologist's point of view, since I'm not --

7 Q Are you saying, Dr. Waxler, that there was a
8 part of the protocol that said, "Don't operate on
9 ROP patients"?

10 A Not specifying ROP, but there's a broad
11 exclusion for retinal issues, for retinal problems.

12 Q And if a medical doctor examines the patient's
13 retinas, a retinal specialist, and says, "You're
14 fine to undergo this procedure, there's no reason
15 that you can't," you're saying that that violates
16 the protocol?

17 A In this case, if I understand it, the patient
18 had ROP. That no one disagrees. So the fact that
19 the retinal surgeon or retinal specialist said that
20 despite this history of ROP it's fine or stable is
21 irrelevant from a regulatory point of view.

22 Q Patient had no vascular problems, no retinal
23 strands, no vitreous strands, and you are still
24 saying he's not a proper candidate?

25 A Did he have ROP?

1 Morris Waxler - cross

2 Q Yes.

3 A Yes.

4 Q But there's nothing in the protocol that says
5 an ROP patient can't have Lasik surgery; is there?
6 You admitted that ROP is not mentioned in the
7 protocol.

8 A But the objective of that -- if they -- I
9 can't get into the minds of the principal
10 investigators, but I helped design these protocols;
11 even though it's a long time ago, I'm quite
12 familiar. It's etched in my brain.

13 We really did not want patients who had any
14 retinal risk factors included in the clinical
15 trials, and it was there in the protocol. So we
16 made a particular point. In fact, it was there in
17 the original guidance document.

18 Our point was here's a procedure which is
19 designed as essentially as a cosmetic procedure,
20 and we did not want to be party to having patients
21 at risk of having a retinal problem.

22 Q Do you know whether Lasik surgery is presently
23 being performed on patients who have ROP?

24 A I have no idea, and it would be irrelevant
25 from the point of this protocol.

1 Morris Waxler - cross

2 Q Dr. Waxler, I want you to look at...

3 - - - - -

4 (Pause.)

5 - - - - -

6 BY MR. SILVERMAN:

7 Q Dr. Waxler, are you familiar with a letter
8 that was written in July of 1997 that made the same
9 type of statement that the previous letter that you
10 were shown -- actually, may be better -- can you
11 look at 133? I think it's in the different book.

12 MS. FITZGERALD: It's right here.

13 BY MR. SILVERMAN:

14 Q Do you have that letter in front of you,
15 Doctor?

16 A I have a July 29, 1997, letter.

17 Q That's a letter from the Department of Health
18 and Human Services, FDA to Dr. Nevyas; is that
19 correct?

20 A Correct.

21 MR. SILVERMAN: And may I approach
22 the witness, Your Honor?

23 THE COURT: Yes.

24 BY MR. SILVERMAN:

25 Q You look at the second page of the letter

1 Morris Waxler - cross

2 starting with the word "however." You see where
3 I'm indicating?

4 A Yes.

5 Q Could you read that?

6 A "However, the agency in an exercise of its
7 enforceable discretion does not intend to consider
8 your previous use, if any, of such a device to be
9 grounds for disapproval of your IDE. Nevertheless,
10 the FDA does intend to consider any use of your
11 lasers to treat patients after the close of
12 business July 28, 1997, unless and until the agency
13 approves an IDE for your device to be grounds for
14 disapproval of your IDE."

15 Q So let me stop you there.

16 As long as the Nevyases applied for the IDE,
17 got approval for the IDE, and then after that
18 started to use the laser, all previous
19 transgressions were forgiven; is that correct?

20 A No.

21 Q Is it correct that their previous alleged
22 transgressions would not be a barrier to have them
23 get IDE approval?

24 A Correct.

25 Q And what's what happened; isn't it? They got

1 Morris Waxler - cross

2 IDE approval?

3 A Correct.

4 - - - - -

5 (Pause.)

6 - - - - -

7 BY MR. SILVERMAN:

8 Q Dr. Waxler, how many inspection visits did the
9 FDA make of the Nevyas laser after IDE approval?

10 A I would have to recollect from this document.
11 I think it looks like one or two. I'm not sure.

12 Q No more than two; is that correct?

13 A It appears, yes.

14 Q Can you turn to Page 9 of that document?

15 A Of this inspection report?

16 Q Yes.

17 A Page 9.

18 MR. SILVERMAN: May I approach the
19 witness, please?

20 THE COURT: Yes.

21 BY MR. SILVERMAN:

22 Q Could you read No. 1?

23 A "Subjects records: The clinical
24 investigator's raw data file were easy to follow;
25 they were in good condition, organized, complete

1 Morris Waxler - cross

2 and legible."

3 Q Okay.

4 And they were made completely available to the
5 investigator; weren't they?

6 A Correct.

7 I mean, I don't know. This -- it says that
8 they -- it says that -- what it says.

9 Q Nothing was hidden?

10 A At the time, I don't know.

11 Q Is there anything in that report that says
12 that something was hidden?

13 A No.

14 Q The Nevyases cooperated with the
15 clinical -- with the investigator?

16 A It says that data files were easy to follow,
17 in good condition, organizer, complete and legible.

18 Q Complete, right? That's what he says?

19 A Organized and complete and legible.

20 Q Okay.

21 Dr. Waxler, I would like you to turn to
22 Plaintiff's Exhibit No. 14. It's in a different
23 book.

24 A I admire your ability to identify which book
25 it's in.

1 Morris Waxler - cross

2 Q We don't make this easy.

3 Can you see that? I also have a big blow up.

4 A I can read this easier than that.

5 Q Okay. Then use whatever's easier.

6 A Oh, yes. This is the Vermillion letter.

7 Q You're familiar with that letter.

8 A Yes. I've read it. I don't remember every
9 part of it.

10 Q How did you become familiar with it?

11 A I think originally Dominic sent me the letter
12 some time ago, and I read it a long time ago; but
13 I've read it again in preparation to refresh my
14 memory of things that happened.

15 Q Did you get it from anybody else besides
16 Mr. Morgan?

17 A Yes. I think that counsel, Maureen
18 Fitzgerald, sent me a copy.

19 Q Anybody else?

20 A No.

21 Q Mr. Friedman ever send you a copy?

22 A No.

23 Q What did Mr. Morgan tell you about this
24 letter?

25 A I don't recall he told me anything

1 Morris Waxler - cross

2 specifically about this letter. He asked me to
3 review a bunch of documents.

4 Q Do you know who Mr. Vermillion is?

5 A I do.

6 Q Was he there when you were there?

7 A Probably.

8 Q Okay.

9 And this letter is written to him as the
10 Director of the Office of Criminal Investigation;
11 isn't that right?

12 A Right.

13 Q And the request is that there be an urgent
14 investigation because there's outright criminal
15 activity --

16 MS. FITZGERALD: Objection.

17 THE COURT: Possible outright
18 criminal activity.

19 MR. SILVERMAN: Excuse me. Possible
20 outright criminal activity.

21 BY MR. SILVERMAN:

22 Q Were you aware of any outright criminal
23 activity engaged in by the Nevyases?

24 A I wouldn't use that phrasing. I would say
25 that there were -- from my previous testimony,